Exhibit B

D.B.H.

	3397
1	Gregonis.
2	THE CLERK: You do solemnly swear the .
3	testimony you are about to give in the matter now
4	pending before this court shall be the truth, the
5	whole truth, and nothing but the truth, so help you
6	God?
7	THE WITNESS: I do.
8	THE CLERK: Please be seated. State your
9	first and last name and spell your last name for the
10	record.
11	THE WITNESS: Daniel Gregonis,
12	G-R-E-G-O-N-I-S.
13	THE COURT: Mr. McNulty.
14	
15	DANIEL GREGONIS,
16	called as a witness by and on behalf of the People,
17	having been first duly sworn, was examined and testified
18	as follows:
19 ·	
20	DIRECT EXAMINATION
21	BY MR. MCNULTY:
22	Q Thank you. Good morning, sir.
23	A Good morning.
24	Q During the course of my questioning, if
25	I ask you a question that you don't understand, will
26	you let me know so I can rephrase it?
27	A Certainly.
28	Q Also would you be kind enough to do the

dige 1

W.B.H

3411

that is because it's very difficult to work with these 1 2 large molecules. Not only that is the differences 3 between individuals are defined by the lengths of those fragments. So one person may have a fragment 4 that is 10,000 letters long, the next person may have 5 a fragment that is 6,000 letters long. 6 7 So you have the fragments. As you 0 8 indicated those are cut up using some type of enzyme? 9 Α Yes. Are there specific types of enzymes 10 0 that are used? 11 Yes, there are. 12 A There are enzymes 13 called restriction enzymes. How many restriction enzymes are there 14 Q there are commonly used for the RFLP process? 15 In forensic work there's basically 16 A three different enzymes that are used. 17 Would you just give us the names of 18 0 19 those three, please? One is called HIN F, is H-I-N-F. 20 A One is called PST. I believe that's also one. And the 21 next one that we use is called HAE III, which is H-A-E 22 23 Roman numeral III. 24 Why do you use or your laboratory use Q 25 HAE III instead of the other two? There's actually two reasons. One, 26 A this is the protocol that was involved by the FBI, 27 28 and it was developed because HAE III makes, is a very

	3434
1	Q Let me take item B. Did you do RFLP on
2	item B?
3	A Yes, I did.
4	Q Use the procedures you previously
5	described?
6	A Yes.
7	Q Did you create an autorad for that,
8	that picture of what was on a membrane?
9	A Actually several autorads.
10	Q What probes did you use that emit that
11	radiation stuff so you can get the photographs?
12	A Actually use four different probes. In
13	sequence.
14	Q What four different probes did you use?
15	A They are called, the actual names of
16	the probes are YNH24, TBQ 7, MS1, and pH 30.
17	Q Why do you use these four different
18	probes?
19	A Number one, they are probes that are
20	very variable between different individuals. In other
21	words, there's a lot of differences in the populations
22	in the length of the fragments for those probes.
23	The other one is that those are
24	somewhat standard probes that are used by mostly
25	government labs doing forensic RFLP work.
26	Q My question, next question was are
27	those probes generally accepted in your scientific
28	community?
200	

P.D.H

	3436
1	previously identified by somebody? If who if so,
2	whom?
3	You don't need to tell me that now, if
4	you can tell me that later. I can't find a reference
5	to 143. I do go to 142 and start in again at 144.
6	(Recess.)
7	THE COURT: All right. Mr. McNulty.
8	MR. MCNULTY: Thank you, your Honor.
9	BY MR. MCNULTY:
10	Q Before I show this, <u>I'm going to hand</u>
11.	you two autoradiographs, for short form the autorads.
12	One is labeled 247 for identification and one is
13	labeled 248 for identification. Do you recognize both
14	of those autorads?
15	A Yes, I do.
16	Q Are those accurate copies of the
17	originals that you produced for me?
18	A Yes, they are.
19	Q With respect to Number 247 appears to
20	be an autorad using the YNH24 probe.
2.1	Now we learned something interesting.
22	You pointing at the screen means nothing. You need to
23	either point down here or if you would go to the TV
24	screen and point. Whichever you prefer, use the Elmo
25	or the TV screen.
26	THE COURT: Actually I would prefer he use the
27	Elmo.
28	MR. MCNULTY: I was concerned about the jury.

1

**

D.B.H.

			3437
	1	I didn't mean to leave you out.	
	2	BY MR. MCNULTY:	
	3	Q Mr. Gregonis, if you would come down	
	4	here for us.	
	5	A Certainly.	
	6	0 I'll just remind you, keep your voice	
	7	up.	
•	8	A Okay.	
	9	Q Do you want to have a seat or stand?	
	10	A I might as well sit.	
	11	Q Make yourself comfortable.	
	12	Looking at 247 for identification in	
	13	front of you on the Elmo, we see a bunch of lanes,	
	14	those columns you are talking about? How many column	s
	15	do we have on that particular, particular picture?	
	16	A There's actually, separate this into	
	17	two different parts. If you go from here to the	
	18	right, this is a separate test strip so it's not	
	19	really evidentiary samples that we're testing with	•
•	20	this. It's more of a control strip for the actual	
	21	test.	
	22	To the left there's actually 14 lanes	
	23	that represent either the question samples or the	
	24	known samples. The question samples being in the	
	25	second lane, the fourth lane, the sixth and the eight	
	26	lane and then the known reference samples being in the	e
	27	lanes on the right-hand side is the four lanes here.	
	28	Q If you would again point out what lane	

4.4.1

BRENDA KOHLER, CSR NO. 7501

	D.B.H.
	3438
1111	would contain the reference sample A?
- 2	A Reference sample A identified as coming
З	from Watley is in lane 10, right here.
4 :	Q If you just take your pen or pencil
5	there and go vertically so we see where lane 10
6	actually is.
7	A Sure. Right here.
8	Q If you would show us lane for, C for
9	Mr. Hogue?
10	A Mr. Hogue is, in his blood sample is in
11	lane 11 and as I'm showing down here mainly the two
12	bands here are his on the screen.
1/3	Q If you would show the lanes for
14	Mr. Burris please for D?
15	A Mr. Burris is in lane 12 and as you
16	come down here, lane 12 his band is the band from the
17	YNH probe.
.18	Q And lane for Miss Arnold or E?
19	A The lane for Miss Arnold is lane 13 as
20	we come on the screen showing here. The two bands
21	here are from the YNH probe.
22	Q And those other thick lanes appear to
23	be five very thick lanes from bottom to top. What are
24	those again for?
25	A The lanes with the multiple bands,
26.	there's actually 30 bands in each one of those lanes
27	and lane 4 are or lane 1 excuse me lane 5, lane
28	9, and lane 14 is the molecular weight ladder on the

BRENDA KOHLEP COD NO 7501

1	ruler we use to measure the size of the bands.
2	Q Why are the molecular weight bands,
3	ladder, yardstick, ruler, whatever you want to call,
4	bands positioned as they are?
5	A They are positioned first of all in
6	four different places on the gel because you have
7	slight differences in migration across the gel. In
8	other words, we couldn't just put one in the middle
9	and have as accurate a reading of a sample over on the
10	left-hand side. So what we do is we bracket the
11	samples, for instance, in lanes 2, 3, and 4 are
12	bracketed by two ladder lanes. Six, 7 and 8 are also
13	bracketed by two ladder lanes. Ten, 11, 12, and 13
14	are also bracketed by ladder lanes.
15	Q As you look at this autorad, this
16	picture, is there anything that causes you concern
17	about contamination or degradation?
18	A The only thing that I would say in
19	interpretationwise, there's some interpretation is in
20	lane 4 there is what we call a partial digest present
21	in this lane.
22	Q What did you mean by a "partial
23	digest?".
24	A It means if you remember the
25	restriction enzyme, the little molecular scissors,
26	they weren't as efficient as they should have been.
27	In other words, they didn't cut all places that they
28	did. So even though the main band for this is

BRENDA KOHLER, CSR NO. 7501

:

3439

4.0.11

	3440
-1	actually down in this region here, we have some bands
2	that are slightly larger because the molecular
3	scissors cut outside of that fragment lane.
4	Q With respect to the partial digest, do
5	you look at those and then have the computer measure
6	them also?
7	A I do measure all of the bands usually.
8	The only exception is, for instance, the bands in the
9	standard reference samples that you see up here, those
10	are actually caused by another phenomenon.
11	Q When we were looking at PCR, have you
12	got a specific result, a genotype if you will, is
13	dependent upon what you get from your mother as well
14	as what you get from your father. Is that true also
15	for RFLP?
16	A Yes, it is.
17	Q And when you get that type of result,
18	do you expect to see certain types of banding, those
19	little marks?
20	A If, for instance, I had a mother and a
21	father and a child, I would expect to see certain
22	types, of banding in the child that can be attributed
23	to the mother and the father.
24	Q You have shown us where the known
25	samples are. Would you show us the lanes for say
26	item B?
27	A Certainly. Item B is in lane 2
28	indicated vertically like this.

What about item M? 1 Q 2 Item M is in lane 4, once again A 3 indicated vertically like this. How about item T-8? 4 0 5 Item T-8 is in lane 6 indicated A 6 vertically. 7 And item T-11? 0 8 A Item T-11 is in lane 8 once again indicated vertically here. 9 Looking at item B, using the 10 Q 11 autoradiograph, does unknown sample B, a sample from the sidewalk, match any one of the four known samples? 12 13 Yes, it does. A Who does it match? 14 Q It matches the standard reference 15 A 16 sample from Burris. 17 0 I'll put next to Burris in green ink 18 RFLP. Does the -- well, show us how it is you 19 20 can tell it matches. 21 A Certainly. Visually what you take a look at, you look at where the bands and the ladder 22 23 are. For instance, this is band Number 21 and 22 on 24 the ladder and B basically lines up where Number 22, 25 band Number 22 is. If you take a look across, you can 26 see that although the blood from Mr. Watley has a band 27 that is close to Number 22, it's slightly above it. 28 Whereas the band, although it's very intense for .

3441

1 there. 2 Q We are talking about measuring base pairs and that percentage difference. We are not 3 talking about terms of inches, are we, like 14 feet 4 ten inches to 15 feet two inches. Talking about much 5 smaller increment? 6 7 Yes, we are. A Did the measurement, the base pair 8 Q measurement, I mean visually looking you said it could 9 10 be Watley just going across but it really matches better with Burris. Did the base pair match up with .11 Burris? 12 13 A Yes, it does. 14 0 Did the base pair match up with Watley? 15 A No. 16 Looking at item M, who did that match? Q. 17 The band that I can attribute to the A 18 sample and not to the partial digest or another 19 anomaly actually matches the standard sample from 20 Watley. 21 Write RFLP next to Watley on the chart 0 242 that we discussed earlier. Again did the base 22 23 pairs for M match Mr. Watley's known base pairs? 24 Yes, it does. A Within the window? 25 Q 26 Yes, it does. A 27 Now, what about the partial digest? Q 28 A The partial digest, first of all, is

· 1 .

3444

1	something that	is not totally uncommon in forensic
2	work because y	ou are dealing with a variety of samples
3	that may preve	ant the molecular scissors from doing
4	their job tota	lly and I judge it to be a partial
5	digest by my e	xperience at looking at partial digest
6	as well as for	YNH24 there's actually a study done to
7	see by Hal Dea	dman. He is at the FBI academy. At the
8	time looking a	t if you have a band and you have a
9	partial digest	, how much larger each of these bands
10	are going to b	e and they correlate with his study.
11	Q	Did those partial digest base pairs
12	when you measu	red them, did they match up with any of
13	the people you	tested in this case?
.14	A	Throughout all of the autorads, no,
15	they did not.	
16	. Q	What about T-8, who did it match?
17	A	T-8 matches Watley.
18	Q	And what about T-11?
19	A	T-11 also matches Watley.
20	. Q	Would you take a look at 248 which I
21	thought I hand	ed you up at the witness stand. It was
22	back at my pod	ium.
23		Do you recognize 248?
24	. A	Yes, I do.
25	Q.	Again does that look like a autorad
26	that you reproc	duced for me for court purposes?
27	А	Yes, it does.
28	Q	Again I see the same ladders or

210

. . .

3445

BRENDA KOHLER, CSR NO. 7501

¥

	D.B.H
	3449
1	A Yes.
2	Q Let's look at the next probe looking at
3	249 in your right hand for identification and 250 for
4	identification in your left hand.
5	A Okay.
6	Q Do you recognize both those autorads?
7	A Yes, I do.
8	Q Are those copies of the original that
9	you reproduced for me?
10	A Yes, they are.
11	Q <u>Start with 249</u> if you would.
12	A Okay.
13	Q Again I see the ladder lanes as you
14	commented earlier on the last autorad?
15	A Yes.
16	Q And then there's other nonladder lanes?
17	A That is correct, yes.
18	Q Would you show us the lanes in this
19	particular case with the non or reference sample?
20	A Certainly. It's going to be in exactly
21	the same order since it's on the same membrane as the
22	previous autorad with item A from Watley being in lane
23	10, those two bands. Lane 11 contains the sample from
.24	Hogue with those two bands. Lane 12
25	THE COURT: Excuse me. Mr. Gregonis, when you
26	say these two bands, I can't tell what you are saying.
27	THE WITNESS: I'm sorry, your Honor. These
28	very intense bands. One approximately a third of the

D.B.H

1	3450
_	when the sel and the one approximately ap eighth
1	way down the gel and the one approximately an eighth
2	of the way up the gel.
3	THE COURT: Thank you.
4	MR. MCNULTY: That would be the bigger dots.
5	THE WITNESS: Actually these samples are very
6	intense. If I asthetically I would like to see
7	them a little bit lighter say like lane 3 for
8	instance.
9	THE COURT: What accounts for the fact that
10	some of the lanes appear to be tacked of beads, as it
11	were, very discreet and finite whereas some of the
12	lanes looked look somebody spilled a bottle of ink?
13	THE WITNESS: The real reason behind that is
14	simply the quantity of DNA that was loaded into the
15	lane itself. And in the lanes where you see a great
16	deal of very intense fat bands, for instance, that is
17	where a lot of DNA was loaded in. The ones where you
18	see a very light but very fine band is where less DNA
19	was loaded into.
20	THE COURT: Thank you.
21	BY MR. MCNULTY:
22	Q In this particular case, the area that
23	looks like where ink was spilled is the area where we
24	have our known samples?
25	A Yes.
26	Q And we have lots of known DNA from the
27	sample?
28	A Yes.

e ja

1.

**

•	D.B.H.
	3451
1	Q The other fainter areas is what was
2	collected at various scenes?
3	A That is correct, yes.
4	Q Okay. Looking for this particular
5	probe which is which probe?
6	A This is the probe called TBQ7.
7	Q Does this check within a different spot
8	on the DNA molecule?
9	A Yes, it does. The previous probe
10	looked at chromosome, spot on chromosome Number 2.
11	This one looks at a spot on chromosome Number 10.
12	Q So we're <u>actually looking at different</u>
13	chromosomes now too?
14	A That is correct, yes.
15	Q With respect to the unknown samples or
16	the question samples, what lanes are those in now?
17	A First of all, same lanes as the
18	previous autorad. Lane 2 contains a sample identified
19	as item B. Lane 4 contains a sample identified as
20	<u>item M.</u> Lane 6 contains a sample identified as item
21	T-8. Lane 8 contains the sample identified as item
22	T-11.
23	Q Looking at item B who does that match
24	up with?
25	A That matches Burris.
26	Q Looking at item M who does that match
27	up with?
28	A That matches Watley.

ж.) А.);

BRENDA KOHLER, CSR NO. 7501

.

	3456
1	Q But that the lower bands were not
2	close?
3	A Correct.
. 4	Q : So that difference of the lower bands
5	is what makes the differentiation?
6	A In this particular probe, yes.
7	Q Okay. Let's move on to the next two.
8	251 for identification in your right hand, 252 for
9	identification in your left hand. Do you recognize
10	both those items?
÷ 11.	A Yes, I do.
12	Q Copies of autorads you prepared for me
13	for court?
14	A Yes.
15	Q Go ahead and start with the one in your
16	right hand, 251 for identification.
17	A Okay.
18	Q We have our ladder lanes in this
19	particular autorad?
20	A Yes, we do.
2.1	Q We have that area, to use the Judge's
22	terms, that looks like ink was spilled. Would that be
23	the area of our known samples?
24	A Yes, it is.
25	Q And then we have other nonladder or ink
26	spilled area. Would those be either our control or
27	question sample areas?
28	A Yes.

V.

BRENDA KOHLER, CSR NO. 7501

6.)

14

-

V.B.F.

		3457
1	Q	If you would what probe is this?
2	· A	This is probe MS1 and this recognizes a
3	place on DNA c	on chromosome Number 1.
4	Q	Yet again a different chromosome than
5	the first two	probes?
6	A	Yes.
7	Q	If you would show us where our four
8	known samples	would be on this particular autorad?
9	A.	The four known samples once again are
10	in lane 10 for	Watley, lane 11 for Hogue, lane 12 for
11	Burris, and la	nne 13 for Arnold.
12	Q	Where would the unknown sample B be?
13	A	Unknown sample B is in lane 2.
14	Q	Unknown sample M?
15	· A	Is in lane 4.
16	Q	T-8?
17	A	Unknown sample lane T-8 is in lane 6.
18	Q	And T-11?
19	A	T-11 is in lane 8.
20	Q	If you would show us using your pen or
21	pencil there w	who item B matches to?
22	A ·	As far as item B is concerned, there's
23	a very very li	ight band up in this region on the
24	autorad. And	it matches Burris.
25	Q	Item M?
26	A	Item M, there's actually two light
27	bands once aga	ain towards the upper third to middle of
28	the membrane.	The lower one would be in this area.

BRENDA KOHLER, CSR NO. 7501

8.9

:

3461 1 INDIO, CALIFORNIA; THURSDAY, DECEMBER 14, 1995 DEPARTMENT 221 2 3 1:35 P.M. 4 5 THE COURT: Go back on the record in the matter of Davis, Brown, and Holland. 6 All defendants are 7 present, all lawyers are present, no jurors are 8 present. Mr. McNulty. 9 MR. MCNULTY: Your Honor, thank you. Good 10 11 afternoon. Without any disrespect at all intended 12 toward the Court, I appreciate the Court's questions. 13 What concerns me is the preface that sometimes comes to the question such as I don't see the dots. Can you 14 15 tell me where the dots are. I would prefer if the 16 Court can instead of saying I don't see it, would the 17 Court indicate, Mr. Gregonis, would you point to where 18 the dots are please. 19 THE COURT: That's reasonable. Well, practical matter I try and not ask any of those kind 20 21 of questions but sometimes I think if I am having a 22 problem understanding or seeing something, that 23 perhaps the jury is also. So I think the questions 24 are in those instances not inappropriate but your point is well-taken and I will try to watch that. 25 26 MR. MCNULTY: I agree with the Court. Ι 27 don't think your questions are inappropriate, just the 28 preface that concerns me a little bit. And I

	3462		
• 1	appreciate that. That having been said we're ready.		
2	THE COURT: All right. We'll bring them in.		
3	MR. RODRIGUEZ: Can I say that, your Honor, I		
.4	don't see the dots? I want to say that.		
5	(The jurors enter the courtroom.)		
6	THE COURT: Good afternoon. We'll go back on		
7	the record in the matter of People versus Davis, Brown		
. 8	and Holland, ICR 22535. Each defendant is present,		
9	each attorney is present, each juror is present in		
10	their respective seats. Mr. Gregonis is again with us		
11	and at the alternative witness stand at the Elmo		
12	machine.		
13	Mr. McNulty.		
. 14	MR. MCNULTY: Thank you, your Honor. Good		
15 [°]	afternoon.		
16	BY MR. MCNULTY:		
17	Q I'm going to place Number 251 for		
18	identification in front of you again. We discussed		
19	this before lunch and its companion is Number 253 for		
20	identification that we also discussed. What would be		
21	the probe number for these particular autoradiographs?		
22	A The probe for that is MS1.		
23	Q. So far we've talked about the YNH24 the		
24	TBQ7 and MS1?		
25	A That's correct, yes.		
26	Q In a jokingly fashion we mentioned,		
27	used the phrase looks like spilled ink for those areas		
28	on Number 251 for identification purposes. That in		

V. Willi

BRENDA KOHLER, CSR NO. 7501

		K. C. CII
		3465
	1	in this area a little bit less intense and the bottom
	2	band is right below it.
	3	Q If we take a white piece of paper,
	4	hopefully that won't if you would use it as a
	5	ruler, show us how that matches across.
	6	A Okay. Going at a slight angle, what
	7	I'm looking at, line it up with the ladder lanes.
	8	This band here and the ladder. This band here and the
	9	ladder. This band here and the ladder at the very
	10	bottom and this band here. You notice that the same
	11	exact size in each ladder. And as you take a look,
	12	you see that the two bands are present in the samples
	13.	in approximately identical positions for each of the
	14	unknown samples in 29 B-1 A, 29 B-2 A and 29 B-2 B.
•	15 ³	Q Thank you. I hand you what's been
	16	marked 253 for identification as well as 254 for
	17	identification. Do you recognize each of those
	18	autorads, sir?
	19	A Yes, I do.
	20	Q Are those copies of the originals that
	21	you provided for court?
	22	A Yes, they are.
	23.	Q What probe was used for those autorads?
	24	A <u>This particular probe is called pH30</u> .
	25	Q The darker multiple strips would be
	26	what?
	27	A Those are once again the ladder.
	28	Q And do we have the known or reference
	and the first of	

: j)

14/14/

•

-

	D, B, H,	
	3466	
1	samples in there?	
2	A Yes, we do.	
3	Q Would you show us where those are,	
4	please.	
5	A The reference samples once again are in	
6	the same positions. We have item A with the two bands	
7	here from Watley in lane 10. We have item C with two	
. 8	bands they're run together, kind of, on the	
9	screen from Hogue. And then Burris in lane 12 has	
10	two bands. And then Arnold in lane 13 also has two	
11 '	bands.	
12	Q If you would shows us the lane with	
13	item B would be located?	
14	A Item B is located in number, Number 1	
15	or Number 2, excuse me, with two bands	
16	approximately a third or a quarter of the way down the	
17	gel, very light bands in this area.	
18	Q What about item M?	
19	A Item M is located in lane 4 and we have	
20	two bands from item 4 approximately a quarter of the	
21	way down as well as about in the middle of the gel.	
22	Q How about item T-8?	
23	A T-8 is once again the bands it's in	
24	lane 6 and it is about a quarter of the way down and	
25	approximately in the middle of the gel the two bands.	
26	Q What about T-11, please.	
27	A T-11 is in lane 8 and the bands are the	
28	same positions as for M and T-8, about a quarter of	

BRENDA KOHLER, CSR NO. 7501

. }

10